

WEBVTT

1

00:00:14.549 --> 00:00:15.360

loeb: Okay, Morgan.

2

00:00:15.780 --> 00:00:16.980

Morgan Elowe MacLeod: I can introduce our first

3

00:00:16.980 --> 00:00:17.369

Speaker

4

00:00:20.190 --> 00:00:22.350

Morgan Elowe MacLeod: You like that, it's good to have to post

5

00:00:23.760 --> 00:00:24.180

Morgan Elowe MacLeod: Some

6

00:00:24.540 --> 00:00:26.130

Morgan Elowe MacLeod: Slightly less prepared than others.

7

00:00:27.300 --> 00:00:29.310

Ana Bonaca: It's okay, I think, I think I can do.

8

00:00:29.490 --> 00:00:30.060

Morgan Elowe MacLeod: About it.

9

00:00:31.950 --> 00:00:42.180

Ana Bonaca: So it's a great pleasure to introduce and, uh, how cool is currently a miller fellow in Berkeley and she was an undergrad at MIT, where she worked

10

00:00:47.790 --> 00:00:48.030

Ana Bonaca: Back.

11

00:00:49.320 --> 00:00:50.280

Ana Bonaca: Then she

12

00:00:51.780 --> 00:01:05.070

Ana Bonaca: Moved to conflict as a grad student, but before that she took a year off as a Fulbright Fellow at MPA and there she is really important work extending the cannot code or measuring stellar abundances

13

00:01:06.420 --> 00:01:20.670

Ana Bonaca: From the kind of domain has been trained on SPSS equity data into hundreds of thousands available and most spectra. So I feel like many people in our community expected

14

00:01:21.750 --> 00:01:31.950

Ana Bonaca: To come back and then use care can like just continue along this this line of research. However, she she surprised us all by getting involved.

15

00:01:32.760 --> 00:01:49.200

Ana Bonaca: With the CTF and starting to savvy transients and finding like really opening up this new landscape of so many different types of events. We now know and we'll hear about in the next 20 minutes. So thanks. Thank you. I

16

00:01:50.460 --> 00:02:05.460

Anna Ho: Thank you for the very kind introduction. Can you all hear me and see my slides. Okay, great. So I'm going to tell you about some of my recent work studying extreme cosmic explosions and I thought I would start by explaining what I mean by extreme

17

00:02:06.540 --> 00:02:12.750

Anna Ho: This is a cartoon showing the landscape of optical transients or cosmic explosions in the year 2005

18

00:02:13.230 --> 00:02:25.590

Anna Ho: The x axis shows the time scale of the transients from one day to 100 days and the y axis shows the peak luminosity in the bed absolute mag on the left hand side and herbs per second on the right hand side.

19

00:02:26.790 --> 00:02:42.390

Anna Ho: So at the time, there were basically three major established classes of transients but a few years later, thanks to white fields and targeted optical surveys we filled in many of the so called gaps in them and also the space and began to push to faster time skills.

20

00:02:43.470 --> 00:02:48.750

Anna Ho: But I've been doing for my work is trying to push to even faster time skills and hire people who have in our cities.

21

00:02:49.140 --> 00:03:01.770

Anna Ho: For a long time, this was exclusively the realm of after glows too long duration gamma ray bursts, which we would find in targeted

follow up observations to discoveries by high energy satellites like for me or swift

22

00:03:02.160 --> 00:03:09.510

Anna Ho: But today, we can find routinely optical transients without relying on a GRB trigger that live in this part of phase space.

23

00:03:09.840 --> 00:03:22.830

Anna Ho: And that's because we live in an era where every night, a whole suite of high cadence surveys are patrolling the sky looking for transients so the one that I've been particularly heavily involved with. Is this a key transient facility or is ETF

24

00:03:24.060 --> 00:03:28.740

Anna Ho: They thought I would give you a sort of window into the transit astronomer life.

25

00:03:29.310 --> 00:03:39.420

Anna Ho: With an example of why it's sort of challenging to do astronomy in the top left part of face space here. So this is an example. This is an event that I discovered earlier this year.

26

00:03:39.930 --> 00:03:46.950

Anna Ho: This is a transient. It looked very much like an Africa to a GRB but it was not picked up by high energy satellites so walk you through the timeline.

27

00:03:47.370 --> 00:03:54.840

Anna Ho: In observer frame estimated time since explosion in the observer frame on the bottom and then in the rest frame on the top because this isn't a redshift of 2.9

28

00:03:56.040 --> 00:04:02.610

Anna Ho: We discovered this event with ETF at 19.5 mag. This was it. But it was quite read and that's what

29

00:04:03.300 --> 00:04:09.660

Anna Ho: tipped me off. So I immediately triggered of atomic tree and we show that this was feeding quite quickly.

30

00:04:10.500 --> 00:04:18.690

Anna Ho: We triggered to on kecks I got a structure with elevators and that's how we measure the redshift of 2.9 I triggered swift and measured X rays.

31

00:04:19.500 --> 00:04:27.390

Anna Ho: I got another epic photography with Gemini which show that this was a broken power law light curves and finally triggered the VLA and also got a radio detection.

32

00:04:27.990 --> 00:04:37.380

Anna Ho: So this was all the data that we got for this object. It was all obtained in the first 10 days observer frame since the explosion. And that's why this kind of work is challenging.

33

00:04:37.860 --> 00:04:45.630

Anna Ho: But it's worthwhile because it teaches you about the extremes of how massive stars can end their lives. So we have this

34

00:04:46.200 --> 00:04:57.930

Anna Ho: Traditional story where massive stars and their lives by developing an iron core they collapse and explode into core collapse supernova and leave behind a neutron star or a black hole.

35

00:04:58.710 --> 00:05:06.570

Anna Ho: But today, and I think especially in the last few years, we think we've come to appreciate that this the real story is far more complicated.

36

00:05:06.930 --> 00:05:19.470

Anna Ho: Than that. And there are two complications that I'm particularly interested in one of those is that some stars seem to launch relativistic jets when they collapse, you know, via some kind of contact object phenomenon.

37

00:05:19.980 --> 00:05:29.130

Anna Ho: And then the other is that some stars seem to undergo very intense mass loss of some kind of just in the final days, two weeks of their lives, which I like to call death omens

38

00:05:29.430 --> 00:05:37.800

Anna Ho: And then when the star explodes it collides with this dense material and produces a fast and luminous optical transient from circumstance or interaction.

39

00:05:38.760 --> 00:05:45.360

Anna Ho: So the question is why this these phenomena are probably quite rare. But then the question is why do some stars do this while others don't.

40

00:05:45.810 --> 00:05:56.100

Anna Ho: And in trying to answer that I think is interesting as we get to revisit some of the basics of stellar evolution. So the importance of factors like rotation multiplicity multiplicity and mass loss.

41

00:05:56.400 --> 00:06:09.840

Anna Ho: As well as the basics of how stars explode in the first place. And then the physics of how jets are launched and propagate through matter. That's what I think is astrophysics interesting about this top left part of optical transients space.

42

00:06:11.310 --> 00:06:17.040

Anna Ho: When I first started working in this field, I was particularly focused on this phenomenon of relativistic jets.

43

00:06:17.400 --> 00:06:24.060

Anna Ho: The canonical example of this is long duration gamma ray bursts, which we believe are associated with core collapse supernovae.

44

00:06:24.570 --> 00:06:31.950

Anna Ho: And have all the GRB is close enough where we could actually spectroscopic we classify the supernova. It's always have the same type. So the spectrum.

45

00:06:32.250 --> 00:06:44.400

Anna Ho: Is a one CBL so that means the one see means that there's no hydrogen, helium and then be L stands for broad lines, which means that are very broad absorption features which presumably come from high velocity in the rejected.

46

00:06:45.480 --> 00:06:53.730

Anna Ho: That that I'd show an animation, just to bring everyone on to the same page. So this shows a GRB progenitor the course collapse into some contact object and launched a jet.

47

00:06:54.150 --> 00:06:59.310

Anna Ho: Jet tunnels through the star breaks it up the surface and then escapes into interstellar space.

48

00:07:00.060 --> 00:07:07.260

Anna Ho: it collides with ambient gas and dust and this produces synchrotron radiation all across the electromagnetic spectrum.

49

00:07:07.740 --> 00:07:17.220

Anna Ho: Which we call the afterglow and which we can observe with our optical telescopes and radio telescopes on the ground, and we believe out to, you know, large viewing angles away from the jet.

50

00:07:18.510 --> 00:07:27.480

Anna Ho: But in order to see the prompt burst of gamma ray emission with a satellite like for me or Swift. We think that you have to be looking directly down the barrel of the jet.

51

00:07:28.650 --> 00:07:38.550

Anna Ho: So that's the basic model of GR BS. But what I think is a little bit troubling is that the circumstances required to produce a GRB are quite contrived. So the jet has to get out of the star.

52

00:07:38.730 --> 00:07:51.180

Anna Ho: I would just collapsing in the jet has to accelerate materials ultra relativistic speeds. So it actually seems more natural to think that GR bees are just the extreme of a whole continuum of phenomena that extend all the way down to even ordinary supernovae.

53

00:07:51.930 --> 00:08:03.150

Anna Ho: So there's, you know, perhaps there are dirty fireballs, these would be low Lorentz factor jets that might produce an afterglow but no GRB there might be choked jets. The Jets choked either inside the star itself, or in some kind of surrounding CSM

54

00:08:04.230 --> 00:08:10.740

Anna Ho: So I set out to look for some of these things. And the problem is you can't rely on a GRB satellite because they weren't necessarily produced your BS.

55

00:08:10.980 --> 00:08:17.820

Anna Ho: So my approach was to search for fast and luminous optical transients and that's what brought me to this line of work.

56

00:08:18.210 --> 00:08:27.660

Anna Ho: Along the way, I also became very interested in this phenomenon of stars exploding and dense CSM which presumably got there via some late stage mass loss.

57

00:08:28.260 --> 00:08:34.500

Anna Ho: We have now with CTF been able to find these on triggered after blues to gamma ray bursts, which is very exciting.

58

00:08:34.920 --> 00:08:42.120

Anna Ho: And then also I become interested in other phenomena and color luminosity gamma ray bursts and trying to find them using optical surveys, as well.

59

00:08:43.020 --> 00:08:58.710

Anna Ho: So I don't obviously I can't talk about all of this today. And so I thought I would do is just focus on this detour actually that I took, which is also related to relativistic explosions and then I'm happy to talk about any of these things later on slacker one on one, if you're interested.

60

00:09:00.300 --> 00:09:12.840

Anna Ho: Okay, so the beginning of my detour, was on the 16th of June 2018 when the Atlas survey, which is another Wide Field optical transients survey reported this transient that just coincidentally got the name 2018 co w

61

00:09:13.470 --> 00:09:21.150

Anna Ho: Very nearby. It only 60 mega parsecs. And it was immediately interesting because it was one of the fastest rising was movement as transients ever discovered.

62

00:09:21.420 --> 00:09:29.820

Anna Ho: And I was particularly interested because they were early reports of broad lines and x ray emission, which is kind of what you would expect from a relativistic explosion.

63

00:09:30.960 --> 00:09:35.550

Anna Ho: So immediately trigger radio observations which is a basic expectation for these kinds of explosions.

64

00:09:36.000 --> 00:09:42.720

Anna Ho: To put my observations into context. Here's a collage of radio light curves of various stellar explosions low frequency observation. So

65

00:09:43.230 --> 00:09:52.410

Anna Ho: With with facilities like the VLA on the right hand side and then high frequency observations submillimeter wavelengths with facilities like the SME and Alma on the left hand side.

66

00:09:53.340 --> 00:10:01.650

Anna Ho: And you can immediately see the left hand side as much emptier than the right hand side that's, you know, historically, it's been much less common to follow up solar explosions that millimeter wavelengths

67

00:10:02.250 --> 00:10:07.350

Anna Ho: But he was very nearby and discovered early so this seemed like a good opportunity to try and

68

00:10:07.890 --> 00:10:16.020

Anna Ho: Sure enough, with some Millimeter Array, we found really quite an unprecedented millimeter light curve. So it was sort of double peaked at this very steep drop

69

00:10:16.530 --> 00:10:24.660

Anna Ho: We actually resolve the rise. We actually detected nearly a terahertz with bad night at Alma in a to sort of follow up DDT observation.

70

00:10:25.350 --> 00:10:34.200

Anna Ho: This was really surprising. And then the next task was to try and interpret the strange emission that we observed and I'll talk about that.

71

00:10:34.950 --> 00:10:42.240

Anna Ho: There's this classic diagram from radio supernova astronomy, where you plot on the X axis, the time of your observation types of frequency

72

00:10:42.480 --> 00:10:50.130

Anna Ho: And on the y axis you plot the peak radio luminosity. And so I'm throwing on here a bunch of different stellar explosions and eating cow is just way up here.

73

00:10:50.640 --> 00:10:57.990

Anna Ho: From our alma observation. So observational II. The question is in what does it mean to be on the upper right hand side of this diagram.

74

00:10:58.590 --> 00:11:02.520

Anna Ho: You can draw lines of constant velocity. So the relativistic explosions are here.

75

00:11:03.480 --> 00:11:09.570

Anna Ho: At and cow the object to speed was point one. See, so actually nothing really spectacular. So that's not the answer.

76

00:11:10.200 --> 00:11:20.010

Anna Ho: You can draw lines of constant CSM density which correspond to a mass loss rate and so clearly that's part of the story. And I think

that's usually what people think of when they think of high frequency observations.

77

00:11:20.340 --> 00:11:23.520

Anna Ho: But that's not the whole story because you have to explain the y axis.

78

00:11:23.820 --> 00:11:35.550

Anna Ho: And so in our paper we show that the peak radio luminosity is directly proportional to the energy formalized by the shock divided by the shock radius. So the way to say, sort of, what is luminous millimeter and mission mean

79

00:11:35.940 --> 00:11:48.780

Anna Ho: Is that this is what you get when you have an energetic explosion and a dense medium and then millimeter observations are actually essential if you want to study the innermost CSM which corresponds to the most recent mass loss. History of the progenitor

80

00:11:49.950 --> 00:11:57.750

Anna Ho: So that was our conclusion. And I think what's promising for millimeter observatories is that 18 cow is probably not unique, and having these properties.

81

00:11:58.230 --> 00:12:00.180

Anna Ho: That I'm showing the low frequency light curve as well.

82

00:12:00.780 --> 00:12:07.770

Anna Ho: And it early times, you know, so he was doing strange things in late times that early times though, it looked actually kind of similar to other supernovae that we've seen.

83

00:12:08.100 --> 00:12:21.420

Anna Ho: And looking back at some of the data for these other events here, you know, to hints here. I think it's actually quite likely that a number of these were also bright millimeter transients at early times. It's just that nobody conducted those observations.

84

00:12:22.740 --> 00:12:27.510

Anna Ho: So I think, yeah, I think the future is bright for for millimeter time domain astronomy.

85

00:12:28.890 --> 00:12:39.960

Anna Ho: Okay, so that's the focus of my what what I wanted to focus on was my millimeter observations, but just to conclude, I want to go

through some of the other properties of this transit your many people have

86

00:12:40.320 --> 00:12:49.170

Anna Ho: Have worked on this. So just to speculate as to what it might be. So we observed very luminous variable x ray mission which i and then other groups.

87

00:12:49.710 --> 00:12:57.750

Anna Ho: Have attributed to some kind of newborn compact object. There was obviously the fastest luminous optical emission, which tells you something about the structure of the CSM

88

00:12:58.230 --> 00:13:05.610

Anna Ho: There was hydrogen and helium and a mission and the optical spectra, which you know so clearly to some presented or different distinct from from Dr. B's

89

00:13:06.210 --> 00:13:14.580

Anna Ho: There was all this fast forward shock, which I just talked about. We know that is must be somehow. And I said tropic. This is showing jets, but that's probably not the only possibility.

90

00:13:15.030 --> 00:13:23.640

Anna Ho: And then finally, the host galaxy was a dwarf galaxy, kind of like GRB. So that suggests that these progenitors have some something in common in terms of their, their pathways.

91

00:13:24.900 --> 00:13:30.540

Anna Ho: But since then, I think the consensus has become that these types of transients are rare. I worked very hard in CTF

92

00:13:30.870 --> 00:13:43.500

Anna Ho: And others have looked with other surveys, the rate is probably, you know, certainly less than a percent of the core collapse rate, maybe even closer 2.1% of the rate we have found two other of these events. So we found one in CTF

93

00:13:44.310 --> 00:13:53.400

Anna Ho: But so what I'm showing here as they were kind of filling things in the upper right hand side of the space. I want to, I also drew the second point here. This is a late time radio observation.

94

00:13:53.850 --> 00:14:04.710

Anna Ho: So if you only observed with radio telescopes when it's spreading the radio. You can see would look actually quite normal. And so this is just an example of why it's important to observe at high frequencies at early times

95

00:14:06.360 --> 00:14:14.520

Anna Ho: Okay, so I think all I'll have time to say about 18 cow, as I mentioned, I looked quite hard to find more of these and CTF

96

00:14:14.850 --> 00:14:25.650

Anna Ho: And a few months actually. After 18 cow. I found what I thought was going to be another 18 cow in the CTF high cadence survey, but which actually turned out to not be that it turned out to be a one. See broad line supernova.

97

00:14:26.070 --> 00:14:33.750

Anna Ho: So this just to remind you, is the special type of supernova associated with Dr views. So kind of by accident was brought back to what I was originally looking for

98

00:14:34.350 --> 00:14:42.120

Anna Ho: This is the beautiful light curve from the CTF high cadence survey and I'll just highlight a few, few of the most important features.

99

00:14:42.810 --> 00:14:52.620

Anna Ho: To resolve this amazing rise of over a magnitude per hour and the optical. It was very luminous and blue at peak, which is why I thought it might become another transient like a team cow.

100

00:14:52.920 --> 00:15:01.860

Anna Ho: We actually were able to detect this event. Prior to the explosion by stacking images, which I think is the first time that's been done at least for once the broad line supernova.

101

00:15:02.250 --> 00:15:08.280

Anna Ho: And after around eight days the spectrum. That's when the specs are really started to look like ordinary once the broad line supernovae.

102

00:15:09.510 --> 00:15:13.590

Anna Ho: So in my talk, I'll focus on interpreting this very strange light curve.

103

00:15:14.040 --> 00:15:26.340

Anna Ho: But I do want to mention that we have an enormous amount of data on this object which is enabled by the fact that we discovered it

basically right at the beginning when it was rising, including what I think is the earliest spectral sequence of any stepped on globe supernova.

104

00:15:28.140 --> 00:15:38.880

Anna Ho: Okay, how do we explain this light curve so ordinary once the broad line supernovae. These are a few from the literature we attribute their light curves to the radioactive decay of nickel 56

105

00:15:39.510 --> 00:15:45.780

Anna Ho: That mechanism really doesn't work for this one was rising way too quickly and too much too high a peak luminosity.

106

00:15:46.350 --> 00:15:59.280

Anna Ho: And so what I want to suggest is that instead the mechanism powering the peak was shocked interaction with a dense shell of CSM that is confined so it does not, it just drops off abruptly and density, kind of at the edge.

107

00:16:00.000 --> 00:16:06.030

Anna Ho: So the structure of this, the of a star tend to the 10 centimeters or so. Put the shell at 10 to the 14 centimeters.

108

00:16:06.630 --> 00:16:22.050

Anna Ho: The star explodes with some objective velocity collides with the CSM and then you get this shock moving at V shock photons are able to escape when the optical density of the optical depth drops to see overview shock and so you get this. You can think of as a CSM breakout

109

00:16:23.250 --> 00:16:25.020

Anna Ho: Then the time scale of the transient

110

00:16:25.560 --> 00:16:36.090

Anna Ho: Is depends on the kind of width of this layer. So it's the width of the layer divided by the shock velocity and with these this kind of setup you can get a transient peaks in the optical UV on the time scale of a day.

111

00:16:36.660 --> 00:16:46.110

Anna Ho: And the peak luminosity of that transition is the energy deposited in this layer divided by the crossing time. As you can see that I think nicely explained the properties that we observed

112

00:16:46.830 --> 00:17:02.550

Anna Ho: So to test this in more detail. I worked with David Khatami and Dan case in at Berkeley to run a 1D a simulation and Castro. So the parameters that David put in, you know, once the broad line supernova energy so tend to the 52 earths, and then a shock velocity of point one seat.

113

00:17:03.720 --> 00:17:10.110

Anna Ho: This is the shell properties. And this is a showing the simulation results compared to our data.

114

00:17:10.560 --> 00:17:12.660

Anna Ho: The clock kind of shows the evolution of the Photo Sphere.

115

00:17:12.720 --> 00:17:17.220

Anna Ho: Radius and then the bottom panel shows the evolution of the effect of temperature up to

116

00:17:17.280 --> 00:17:18.510

Anna Ho: 50,000 Kelvin.

117

00:17:19.140 --> 00:17:25.590

Anna Ho: This is just the CSM interaction. And this is just adding in some once the broad lined data from the literature.

118

00:17:26.310 --> 00:17:30.330

Anna Ho: So I think this picture nicely explains what we saw. I want to mention

119

00:17:30.930 --> 00:17:38.490

Anna Ho: Something that initially got me kind of confused. So 18 GP has one analog of the literature. This is a one CBL at higher redshift.

120

00:17:38.910 --> 00:17:47.130

Anna Ho: And they also attributed this to some kind of CSM interaction. But when I compared our shell properties, I realized they were quite different. So I was getting something two orders of magnitude higher

121

00:17:47.430 --> 00:17:51.300

Anna Ho: And an order of magnitude smaller for the shell mass, despite the light curves being quite similar.

122

00:17:51.840 --> 00:17:59.220

Anna Ho: And so the reason for this as a word of warning is that I'm assuming shop breakout they assumed that the shop breakout was over.

123

00:17:59.430 --> 00:18:10.410

Anna Ho: And at the optical admission was dominated by the post shot cooling emissions, you just have this hot expanding all of gas, basically. And there are relations in the literature that people commonly used to do this kind of modeling, but

124

00:18:10.860 --> 00:18:25.320

Anna Ho: You get quite different answers. And you can reconcile this if you make the same set of assumptions. But yet, so just warning if you're trying to use shell masses and radio from the literature is you have to see what exactly people were assuming power. The, the optical emission

125

00:18:26.760 --> 00:18:34.800

Anna Ho: Okay. So in summary, this event. I think we have a couple of lines of evidence that this was some kind of once the broad line with enhanced end of life mass loss.

126

00:18:35.100 --> 00:18:44.340

Anna Ho: There was the direct detection of some kind of pre explosion and mission and then indirectly, we saw the interaction of the director with this pre shared admission.

127

00:18:45.300 --> 00:18:50.850

Anna Ho: I think this is quite unexpected and strip stars as far as I know, there are some ideas for how this might come about.

128

00:18:51.540 --> 00:18:54.870

Anna Ho: But I think this is something, there's something I'm interested in in learning more about

129

00:18:55.260 --> 00:19:05.490

Anna Ho: I think it's particularly unexpected in these GRB types of supernovae. I think there have been some predictions that you might get mass loss from Rapid Rotation kind of along along the equator.

130

00:19:06.240 --> 00:19:08.460

Anna Ho: There's also made me interested about whether

131

00:19:08.970 --> 00:19:19.260

Anna Ho: A CSM like this, how this would actually affect the propagation of a jet. This is something I've worked with pulled up over here about I think there's some disagreement. It's been argued that a jet would be completely choked.

132

00:19:19.740 --> 00:19:22.440

Anna Ho: But I think in our work, we found that that's actually not the case.

133

00:19:23.970 --> 00:19:35.970

Anna Ho: And finally, I think in the future we'll be able to establish what fraction and type of stars exhibit this behavior, instead of two different ways, you know, the fast luminous transients are well suited to a shallow high cadence survey like CTF

134

00:19:36.240 --> 00:19:44.160

Anna Ho: And I think the study of this pre explosion of mission is basically perfect for a facility like like the Reuben Observatory. That's something I'm excited about now.

135

00:19:45.330 --> 00:19:59.400

Anna Ho: Okay, so I think that's all the time I have, I obviously to talk about everything that I've been thinking about in the last few years. So I thought I would just leave a list up here and that way you know what you can ask me about on Slack or individually later. So thank you.

136

00:20:02.430 --> 00:20:03.240

Morgan Elowe MacLeod: Thank you so much.

137

00:20:22.830 --> 00:20:37.560

Morgan Elowe MacLeod: Thank you very much for really beautiful talk and and yeah let's let's open for some questions on starting from the slack floor has a question about at a

138

00:20:40.920 --> 00:20:41.340

Morgan Elowe MacLeod: Cow.

139

00:20:42.240 --> 00:20:42.720

There we go.

140

00:20:43.860 --> 00:20:46.650

Morgan Elowe MacLeod: You all get really good at reeling off these numbers.

141

00:20:47.280 --> 00:20:54.030

Morgan Elowe MacLeod: And so looking at the millimeter versus longer wavelength slide. Yeah.

142

00:20:55.590 --> 00:21:01.980

Morgan Elowe MacLeod: Flores asking it looks like the 18 cow has a steeper decline.

143

00:21:02.160 --> 00:21:05.100

Morgan Elowe MacLeod: Yeah, and and could you tell us more about this.

144

00:21:05.430 --> 00:21:09.750

Anna Ho: Yeah, I don't think we really so when we first saw steep decline actually in the millimeter

145

00:21:10.020 --> 00:21:21.750

Anna Ho: And we attributed this to a drop off in the density of the CSM so the idea was that this you had 10 to the minus two solar masses of material confined to tend to the 16 centimeters or so.

146

00:21:21.960 --> 00:21:27.420

Anna Ho: So that explain the drop off, but then seeing this in the low frequencies. I don't think we really know there's

147

00:21:27.840 --> 00:21:36.030

Anna Ho: Because I can think of two explanations for why you would get something like this, you know, either you run out of stuff to interact with. So, it reflects the structure of the CSM

148

00:21:36.330 --> 00:21:45.960

Anna Ho: Or your energy input is actually dropping off. So maybe this engine, whatever it was ended or shut off somehow. But I think we're not sure

149

00:21:47.370 --> 00:21:48.900

Morgan Elowe MacLeod: Floor. Do you want to add to that at all.

150

00:21:54.870 --> 00:22:00.030

Morgan Elowe MacLeod: I guess I have another related question which comes from Paul duffel and that's

151

00:22:02.010 --> 00:22:21.720

Morgan Elowe MacLeod: So his direct I'll read his question, but could you get this from a jet CSM interaction. This being the second transit, you were talking about and kind of related Lee to what you were just saying, how do we, can we use the multi wavelength observations to disentangle like geometry vs.

152

00:22:23.460 --> 00:22:35.160

Morgan Elowe MacLeod: Vs energetics sort of like can you combine, for example, millimeter observations and the longer wavelength radio and even the optical and say something about

153

00:22:36.690 --> 00:22:44.340

Morgan Elowe MacLeod: Like whether it's a shell, for example, or a continuous distribution or if it's a conical outflow versus a spirit.

154

00:22:44.400 --> 00:22:45.120

Anna Ho: Yeah trick.

155

00:22:45.150 --> 00:22:47.550

Morgan Elowe MacLeod: Like what are the limits of what we can do.

156

00:22:47.880 --> 00:22:52.590

Anna Ho: Yeah. Um, so I guess the first question I think was whether there could have been a jet.

157

00:22:53.070 --> 00:23:10.200

Anna Ho: So we have no evidence for a jet. So the radio was not detection in the radio not detection, the millimeter non detection and the x ray and I follow this up with radio out quite late times in case there were some late rising but successful jet. So at this point, that seems unlikely.

158

00:23:11.340 --> 00:23:28.170

Anna Ho: I don't think we I'm reluctant to kind of invoke a jet unless one is actually needed. And so I think what we saw can be explained by the CSM interaction model. And so I'm reluctant to invoke some hidden choked jet of some kind. I guess the energy would be

159

00:23:28.470 --> 00:23:33.690

Anna Ho: The thing that sort of is hard to explain but that's the case for all the ones who brought line supernova. They're all

160

00:23:33.870 --> 00:23:41.040

Anna Ho: Tend to the 52 arrays and that's difficult to explain with just a simple neutrino powered explosion, but observational, I think we don't have evidence

161

00:23:41.640 --> 00:23:47.580

Anna Ho: For a jet, then I think the other question was how you can disentangle how to talk about the geometry. So,

162

00:23:47.970 --> 00:23:54.810

Anna Ho: This you know when people think of CSM interaction. I think they usually think of emission lines and optical spectra.

163

00:23:55.350 --> 00:24:01.230

Anna Ho: Radio mission x ray mission but we didn't have any of that. So the spectra should know emission lines at any point

164

00:24:01.560 --> 00:24:13.020

Anna Ho: We have spectrum throughout the evolution of this transient. But I think that is naturally explained. If it's just a shell where it's very confined and there's no kind of extended material going out to larger radio

165

00:24:13.620 --> 00:24:22.920

Anna Ho: Because then by the time you get to peek light, the ideas, the shock has swept up the shell. There's nothing more to sort of interact with and produce emission lines. And then after this. You're just sort of done

166

00:24:23.640 --> 00:24:28.440

Anna Ho: So I think from the lack of admission lines, we can actually say that the shell seems

167

00:24:29.520 --> 00:24:39.030

Anna Ho: That supports this shell confined idea whether it's to royal or sort of actual shell. I think we have no way to disentangle that at this point. Interesting.

168

00:24:39.060 --> 00:24:52.830

Morgan Elowe MacLeod: So Josh Grindley asks whether this could be an effects this GRB, in which case, one is seeing a structured jet that might appear as a soft x ray outburst. And maybe that relates to what you actually were just raising about

169

00:24:53.880 --> 00:25:05.820

Anna Ho: Constraints. Yeah, I guess we saw no yeah I you know from my work with Paul, it seems like this kind of CSM IF THERE WAS A JET. JET would have just gone sailing into it. Maybe it would have been decelerated but it would not have been stopped.

170

00:25:06.120 --> 00:25:17.940

Anna Ho: So I didn't look for an off axis jet and found none with our radio observations, even at quite late times. So it seems, at least not a jet like a classical GRB jet interesting

171

00:25:18.060 --> 00:25:25.740

Morgan Elowe MacLeod: And can I interrupt you there with my own question. Is it the case that the CSM density is high enough that it would be radio luminous kind of thing.

172

00:25:27.120 --> 00:25:36.510

Anna Ho: Oh, right. That's a good question. I guess so. Right. When I say that I didn't detect a jet. What I really mean, as I put some constraints on sort of what could the density have been and what what

173

00:25:36.810 --> 00:25:43.470

Anna Ho: Could what could the jet energy have been I don't I'll top my head. I don't remember what the CSM density is constrained to being

174

00:25:43.740 --> 00:25:53.400

Anna Ho: But I think for typical for sort of normal GRB properties we didn't see what one would expect. I see interesting and

175

00:25:53.550 --> 00:26:09.390

Morgan Elowe MacLeod: Avi low Basques whether a shell and it sounds like, really, that is kind of what's going on here is that could that be a result of a precursor ejecting a fraction of the envelope or what are we, do we have a sense of the physical origin of that.

176

00:26:09.510 --> 00:26:11.790

Anna Ho: Yeah. Yeah, so the so the two

177

00:26:13.440 --> 00:26:20.310

Anna Ho: Kind of contenders that I think are most promising for this. So, you know, there are, there's some

178

00:26:20.550 --> 00:26:28.260

Anna Ho: Ideas that in the very late cedars nuclear burning when the temperatures in the core get extremely high. You get these instabilities that are able to

179

00:26:28.530 --> 00:26:35.130

Anna Ho: Propagate to the surface and then you kind of shed. So for the for one CBL progenitor you're shutting a little carbon oxygen layer.

180

00:26:35.760 --> 00:26:43.800

Anna Ho: Out and that is what we observed. So in the spectra of the transient we saw carbon, oxygen and absorption. So that's presumably the composition of this layer.

181

00:26:44.370 --> 00:26:49.170

Anna Ho: So I guess that's the sort of wave driven mass loss as one possibility. And then I've also read

182

00:26:49.680 --> 00:27:00.780

Anna Ho: Ideas that also because of sort of the late stages of nuclear burning, you might get a rapid spin up of the progenitor and that might cause equatorial mass loss. And so perhaps that's

183

00:27:01.500 --> 00:27:08.190

Anna Ho: That's the way that this kind of material got there. So those are those are two possibilities that I'm aware of at least

184

00:27:09.750 --> 00:27:17.250

Morgan Elowe MacLeod: Can I ask a sort of follow up question and I'll be a few follow up questions or anyone if you have follow up questions, feel free to chime in.

185

00:27:20.160 --> 00:27:30.840

Morgan Elowe MacLeod: Say there's equatorial mass loss, does that, in a sense, presumably, that's the same equator that a jet would go out through the poles of

186

00:27:31.080 --> 00:27:46.080

Morgan Elowe MacLeod: Right. Um, so does the fact that we're seeing the strong interaction really kind of point towards the spherical story that you're raising in the sense that, you know, a jet might escape through the poles, where there isn't much CSN

187

00:27:46.500 --> 00:27:46.860

And right

188

00:27:49.290 --> 00:27:49.770

Anna Ho: Um,

189

00:27:49.800 --> 00:27:51.420

Morgan Elowe MacLeod: Does that make sense or do I need to

190

00:27:52.740 --> 00:27:53.850

Morgan Elowe MacLeod: I know I wasn't very clear.

191

00:27:54.000 --> 00:27:58.320

Anna Ho: And no, no, that's fine. Sorry. Are you asking if the materials just along the equator.

192

00:27:58.500 --> 00:27:58.860

Morgan Elowe MacLeod: Yeah.

193

00:27:59.070 --> 00:28:01.050

Anna Ho: See the strong interaction will

194

00:28:01.080 --> 00:28:03.240

Morgan Elowe MacLeod: Say there were then a jet in the pole.

195

00:28:03.480 --> 00:28:08.160

Morgan Elowe MacLeod: Yeah, you might have a relatively evacuated pole, but a dense. Yeah.

196

00:28:08.220 --> 00:28:08.580

Anna Ho: Yeah.

197

00:28:08.610 --> 00:28:20.430

Morgan Elowe MacLeod: But the ROI Taurus and the fact that you do see the interaction and some of these cases, it ends up being an equatorial mass loss sort of way driven in a rotating star.

198

00:28:21.630 --> 00:28:27.900

Morgan Elowe MacLeod: Maybe that would point towards, towards the geometry being not that have a sort of polar jet.

199

00:28:28.290 --> 00:28:37.830

Anna Ho: Oh, I see. Also, I think maybe, maybe I wasn't clear. So I think that the mission that we saw was not anything to do with a jet. I think it was the spherical supernova explosion.

200

00:28:39.030 --> 00:28:41.220

Anna Ho: Supernovae ejecta hitting the shell.

201

00:28:42.060 --> 00:28:43.650

Morgan Elowe MacLeod: I see, I see. I see, I see.

202

00:28:43.800 --> 00:28:50.220

Anna Ho: So no jet right just by this thing i want CBL and I looked very hard for a jet. I don't think we basically

203

00:28:50.310 --> 00:28:50.910

Anna Ho: I'm not good.

204

00:28:51.120 --> 00:28:51.870

Morgan Elowe MacLeod: At all. Okay.

205

00:28:52.170 --> 00:28:53.520

Anna Ho: In the model for this object.

206

00:28:54.000 --> 00:28:55.830

Morgan Elowe MacLeod: Yeah. Interesting, interesting.

207

00:28:57.600 --> 00:29:02.730

Anna Ho: I will say my personal opinion on one CBS at this point is that not all of them have debts, so

208

00:29:04.200 --> 00:29:04.530

Anna Ho: Yeah, we

209

00:29:04.680 --> 00:29:15.000

loeb: Could I just followed up with Morgan was saying. And so if there was a binary companion clause in could that imitate the shell for you.

210

00:29:16.440 --> 00:29:21.750

Anna Ho: That's a good question. I don't that I do not have not looked into that. I don't know why.

211

00:29:24.090 --> 00:29:26.580

Anna Ho: I would have to, I don't know off the top of my head.

212

00:29:26.820 --> 00:29:32.010

loeb: Because, in principle, the collision of the ejector with a companion could lead to some kind of a shocking.

213

00:29:32.310 --> 00:29:36.630

Anna Ho: Yeah. And yeah, I just, I don't know how one would tell the difference.

214

00:29:38.670 --> 00:29:41.130

Anna Ho: Between those scenarios, I would have to think more about

215

00:29:45.150 --> 00:29:57.660

Morgan Elowe MacLeod: Let's close with one last question. I think taking us back to almost some of your final slides, thinking about you know what the constellation of facilities that we have available. And how do we make progresses.

216

00:29:58.830 --> 00:30:08.040

Morgan Elowe MacLeod: From from summer dimming do we expect to SST to contribute to finding more of these fast rise events or are they too fast to find their with the

217

00:30:09.480 --> 00:30:11.130

Morgan Elowe MacLeod: Reuben Observatory cadence.

218

00:30:11.280 --> 00:30:12.660

Morgan Elowe MacLeod: And you need to

219

00:30:12.930 --> 00:30:15.570

Morgan Elowe MacLeod: You know, use that only for the progenitors or something.

220

00:30:15.990 --> 00:30:23.940

Anna Ho: Yeah, I mean, yeah, so the nominal LS st cadence, the sort of what it spending most of its time doing is not well suited to this kind of thing at all.

221

00:30:25.050 --> 00:30:36.870

Anna Ho: Be I think Alice's t is trying to make plans for sort of smaller scale high cadence surveys. And I don't think that I don't think a final decision has been made about that. So I think the green, the sort of ideal.

222

00:30:37.560 --> 00:30:53.190

Anna Ho: Combination is you have a shallow survey kind of running alongside LS st were with your shallow high cadence survey you pick up all these past luminous things in the relatively nearby universe out to redshift point one or point two.

223

00:30:53.610 --> 00:31:00.540

Anna Ho: And then with LM s t you do the pre explosion studies and maybe even post explosion studies.

224

00:31:00.810 --> 00:31:06.630

demink: So thanks, thanks. And if I can, especially i guess i know it's pretty bad. So it was kind of a set of question.

225

00:31:08.520 --> 00:31:23.970

demink: But the interest of illiteracy is also for rare events, especially if you can find them for their way and this seems to be something exotic and the further way, we can see things we get a very interesting constraints and if there is some sort of evolution with time when

226

00:31:24.000 --> 00:31:24.600

Anna Ho: Right, but

227

00:31:24.750 --> 00:31:30.210

demink: At least the etc. So I'm hoping into direction, do you think that is hopeless with it because we can only do with nearby.

228

00:31:31.980 --> 00:31:36.060

Anna Ho: So I think that what would help. So something I've been thinking about

229

00:31:36.990 --> 00:31:47.310

Anna Ho: And trying to get involved with at Berkeley and LPL is whether you could avoid some of these cadence requirements by knowing the luminosity of the transient a priority.

230

00:31:47.670 --> 00:31:51.630

Anna Ho: So what's distinctive about these events is not just that they're fast, but also that they're

231

00:31:52.140 --> 00:32:02.220

Anna Ho: Quite more luminous than ordinary supernova. And so if one had a very extensive galaxy catalog catalog galaxy Redshirts you could select these perhaps without requiring the same

232

00:32:02.550 --> 00:32:08.910

Anna Ho: High cadence. And so then with something like Ellis team. Maybe you could increase your yield of these types of events.

233

00:32:10.170 --> 00:32:11.700

Anna Ho: I need to work out this election.

234

00:32:11.700 --> 00:32:13.710

demink: Effects later and understand what population.

235

00:32:13.830 --> 00:32:24.300

Anna Ho: Yes. Yeah. Yeah. And then there's the issue of you'd have to confirm what they are. If they're too high redshift. Maybe the radio mission wouldn't even be or hips are talking about thinking about eating cow what I was saying that but

236

00:32:24.900 --> 00:32:34.590

Anna Ho: If you wanted to get spectra logic and from this is a one CBL than at higher redshift that would, that could be extremely difficult. So there is the issue of spectroscopic confirmation as well.

237

00:32:35.580 --> 00:32:40.830

demink: And if I may, on the predictive analytics and then maybe depending on what the rate of these events are

238

00:32:41.160 --> 00:32:49.200

demink: There's also an interesting progenitor where you have a lead time merger of two stars that either already stripped or have gotten lost most of their events in previous interactions.

239

00:32:49.860 --> 00:32:56.070

demink: That could lead to injection at a time and merging. Not too long after the question is written material still around.

240

00:32:57.390 --> 00:33:05.820

demink: We haven't worked this out in great detail have discussed it in context of this, but with it in mandibles apart as we make estimates for such events and where they would occur.

241

00:33:06.150 --> 00:33:08.400

demink: Oh yeah, it gets maybe one I'll send you a reference

242

00:33:08.460 --> 00:33:11.100

demink: It specifically addressed to the system, but it's

243

00:33:12.180 --> 00:33:14.220

demink: Depending on the radio could be relevant or not.

244

00:33:14.670 --> 00:33:17.010

Anna Ho: Okay. Yeah, it'd be great to talk about that more later. Thank you.

245

00:33:18.360 --> 00:33:23.640

Morgan Elowe MacLeod: And I thank you so much for a really wonderful talk and

246

00:33:24.870 --> 00:33:35.370

Morgan Elowe MacLeod: Those of you who posted questions in the in the chat window I copied them into the slack. And so, and, for example, the link that you were just mentioning Selma, that's a great place for it.

247

00:33:35.910 --> 00:33:46.530

Morgan Elowe MacLeod: So we can continue to congregate there. And we're so grateful for your taking the time to to meet with us, despite the challenges of life these days. So thank you so much for giving me the chance to be here.

248

00:33:48.270 --> 00:33:49.740

Ana Bonaca: Thanks. Okay.

249

00:33:50.160 --> 00:33:52.500

Anna Ho: Talk to you all on to go. Yeah.

250

00:33:54.660 --> 00:34:02.010

Ana Bonaca: So yeah, it's I think it's far and I stock in respect to move on our next speaker. Today's excellent Bowman, who

251

00:34:03.150 --> 00:34:10.950

Ana Bonaca: Got his integrated master's degree in physics from University of Mumbai and then move to Carnegie Mellon.

252

00:34:11.610 --> 00:34:24.930

Ana Bonaca: For for PhD and this or physical oriented background really shows in some of the work is done at CMU where he applied group theory to flashlight and Ericsson morphology.

253

00:34:25.470 --> 00:34:36.000

Ana Bonaca: Of the topic of his you see thesis was actually measuring clustering in the early universities in the blue tides simulations.

254

00:34:37.080 --> 00:34:43.590

Ana Bonaca: He has since moved the University of Florida where he's working with a familiar person through the ITC Laura Flicka

255

00:34:44.700 --> 00:34:52.050

Ana Bonaca: And actually studying relations of supermassive black holes and illustrious which will hear about today. So take it away.

256

00:34:54.870 --> 00:34:55.020

Ana Bonaca: I

257

00:34:55.050 --> 00:34:56.820

Aklant Bhowmick: Thanks for the kind introduction.

258

00:34:57.360 --> 00:35:07.620

Aklant Bhowmick: And thank you so much for letting me be here and talk about my work. So I'll be presenting some results from a very recent four hours, which I did with Laura blocker and her students. Hello, Thomas

259

00:35:08.040 --> 00:35:16.020

Aklant Bhowmick: On the impact of environment on Supermassive black and fueling or he and activity in the illustrious THC. Can you guys see the slides. Okay.

260

00:35:17.250 --> 00:35:17.550

Aklant Bhowmick: Awesome.

261

00:35:18.660 --> 00:35:18.960

Aklant Bhowmick: Alright.

262

00:35:20.070 --> 00:35:27.870

Aklant Bhowmick: So the fundamental question that we try to ask with these kinds of works is, essentially, what are the key physics that drives agent activity in galaxies.

263

00:35:28.620 --> 00:35:34.020

Aklant Bhowmick: So for the physical process to drive Ag and activity. There are two key requirements that need to be satisfied.

264

00:35:34.380 --> 00:35:40.680

Aklant Bhowmick: First is that we need a steady supply of coal, gas, and then we need some mechanism for the gas to lose its angular momentum.

265

00:35:41.340 --> 00:35:45.270

Aklant Bhowmick: There are two broad categories of processes that can satisfy the requirements.

266

00:35:45.660 --> 00:35:55.020

Aklant Bhowmick: On one hand, one can have secular processes that can occur within the galaxies and these include stellar winds, gas accretion or hydrodynamic instability, such as the bar instability.

267

00:35:55.770 --> 00:36:09.720

Aklant Bhowmick: On the other hand, one can also have galaxies being subjected to external disturbances such as major mergers or interactions and that can also trigger potentially trigger AGN activity and this is going to be the focus of this particular work and talk

268

00:36:10.860 --> 00:36:16.230

Aklant Bhowmick: So galaxy mergers or interacting systems are also very interesting because they are precursors to what would

269

00:36:16.530 --> 00:36:29.790

Aklant Bhowmick: eventually go on to form gravitationally bound that could be binaries, which are going to be sources of gravitational wave detections in upcoming facilities such as LISA, and this offers very exciting prospect in the light of the upcoming of my time messenger astronomy.

270

00:36:31.350 --> 00:36:39.240

Aklant Bhowmick: So in terms of the role of galaxy mergers or interactions in driving AGN activity. And so there are two key fundamental questions that need to be answered.

271

00:36:39.660 --> 00:36:46.500

Aklant Bhowmick: The first is whether a merger region connection exists in our universe. And second is, if it does exist. What is its relative importance.

272

00:36:46.770 --> 00:36:55.680

Aklant Bhowmick: Compared to secular processes and driving AGN activity and whether there's a dependence on luminosity or redshift of the AGNs in terms of the answers to these questions.

273

00:36:56.700 --> 00:37:12.450

Aklant Bhowmick: So observationally we find actually that a vast majority of aging hosts do not show any signatures of recent emergence in their morphology and here are some examples of aging hosts, which have been analyzed in some recent works and even visually. You can see that these hosts.

274

00:37:12.660 --> 00:37:15.420

Aklant Bhowmick: Do not have any kind of external disturbances that would

275

00:37:15.600 --> 00:37:19.170

Aklant Bhowmick: Be typically characteristic of mergers or interactions.

276

00:37:20.640 --> 00:37:28.080

Aklant Bhowmick: On the other hand, galaxies that are indeed found to be merging or interacting. They do tend to have a higher fraction of aging host and he are

277

00:37:28.740 --> 00:37:35.580

Aklant Bhowmick: Isn't recent work which shows some images of such interacting systems. And here's the result from as an adult 2013

278

00:37:35.850 --> 00:37:45.540

Aklant Bhowmick: Where they essentially looked at the agent access defined as the ag inflection of merging of interacting systems, divided by the agent fraction of a master catalog of

279

00:37:46.320 --> 00:38:00.750

Aklant Bhowmick: Isolated galaxies or non merging galaxies as a function of the project of separation between the interacting galaxies. And what they found was that a decreased projected separations. This increased Ag and access would could which could be a potential signature of

280

00:38:00.780 --> 00:38:01.980

Merger alien connection.

281

00:38:03.150 --> 00:38:13.830

Aklant Bhowmick: So in terms of like what are the potential reasons for what are the these conflicting results that can possibly explain this conflicting results on the merger region connection and its importance in

282

00:38:14.340 --> 00:38:18.510

Aklant Bhowmick: Driving Aegean activity. So first, there could be like selection effects.

283

00:38:18.930 --> 00:38:30.360

Aklant Bhowmick: For example, different observation groups use different selection criteria to select a GNC you could select agents in the x ray band or the mid infrared or the optical band and the point is that these different selection criteria could

284

00:38:31.470 --> 00:38:36.120

Aklant Bhowmick: prob galaxy merges at different stages which could lead to different results.

285

00:38:37.320 --> 00:38:43.950

Aklant Bhowmick: And then the second is that mergers can potentially trigger Quasar obscuration and this has been established.

286

00:38:44.640 --> 00:38:51.060

Aklant Bhowmick: In various works on simulations, as well as observations. And here's an example result from black hat and 2018

287

00:38:51.570 --> 00:38:57.300

Aklant Bhowmick: Where they looked at idealized simulations of galaxy mergers, which is shown here. And they essentially have

288

00:38:57.870 --> 00:39:09.060

Aklant Bhowmick: investigated the ag and luminosity and hydrogen column density as a function of time and around the time of merger, which is here, we find that the ag and luminosity peaks, but so does the hydrogen column density, which could

289

00:39:09.540 --> 00:39:23.070

Aklant Bhowmick: Potentially obscured equation. So, this essentially tells us that there is a significant portion of quasars, that could be triggered by galaxy merger interactions, but they because obscuration they may not be available for detections in various bands.

290

00:39:24.540 --> 00:39:28.230

Aklant Bhowmick: So overall what we see, that is that is the

291

00:39:28.890 --> 00:39:37.230

Aklant Bhowmick: About the merger region connection. There's very mixed results from the observational side. So there's a body of work that serve as evidence against merger connection and its importance.

292

00:39:37.590 --> 00:39:47.910

Aklant Bhowmick: And there's another body of work that serve as evidence for merger region connection. But this essentially tells us that this is a very active field. And it's a matter of considerable debate and but

293

00:39:48.780 --> 00:39:55.020

Aklant Bhowmick: There's a lot of progress going on, but not for the work needs to be done before we attain a much more complete picture.

294

00:39:56.130 --> 00:40:05.730

Aklant Bhowmick: So the question that we asked in this work is whether we see enhanced activity Aegean activity associated with galaxy mergers and cosmological hydrodynamic simulations.

295

00:40:06.120 --> 00:40:17.580

Aklant Bhowmick: And we essentially looked at the last E and G simulation, which a lot of people in this session are very closely involved with. And these are essentially a suit of simulations, which run all the way to redshift zero

296

00:40:18.000 --> 00:40:23.700

Aklant Bhowmick: Using the repo hydrodynamic code. The code has a wide area of physics, which include gravity.

297

00:40:24.120 --> 00:40:32.970

Aklant Bhowmick: Gas money to Hydra dynamics star formation Blackhole route and stellar and he and feedback here. I'm going to be focusing on results from the D amp D 100 box.

298

00:40:33.480 --> 00:40:37.530

Aklant Bhowmick: Which has a box size of about hundred meg apostates and about 10 billion particles.

299

00:40:37.830 --> 00:40:51.180

Aklant Bhowmick: And with such a combination of volume and resolution we are able to resolve, not just the overall large scale structure which has shown in terms of the dark matter density field over here, but also individual galaxies and black holes which are represented by these insert panels.

300

00:40:53.130 --> 00:41:03.150

Aklant Bhowmick: So in this world, we essentially exploit the fact that galaxy mergers would organically lead to formation of closed systems of black holes within various separation distances.

301

00:41:03.480 --> 00:41:09.420

Aklant Bhowmick: And we essentially identify these black hole systems in the simulation and analyze their agent activity.

302

00:41:10.170 --> 00:41:22.680

Aklant Bhowmick: So here's a schematic, which kind of demonstrates the our approach. So this black dots over here represent the spatial distribution of a very small TNT example set of black holes that would be formed in the illustrious TNT box.

303

00:41:23.160 --> 00:41:31.980

Aklant Bhowmick: And what we do here is basically linked together these black holes using a maximum distance criterion. So, which is denoted by the max on the horizontal line over here.

304

00:41:32.520 --> 00:41:35.880

Aklant Bhowmick: So if you were to, for example, apply this criteria into this particular

305

00:41:36.510 --> 00:41:49.980

Aklant Bhowmick: Set of black holes, it would lead to the identification of this black hole triple system over here at the center, such that each member of the system is links to at least one of the member of the system within the separation distance the max.

306

00:41:51.060 --> 00:41:57.150

Aklant Bhowmick: So we apply this criterion and identify black holes in the 2100 bucks at various Richard snapshots.

307

00:41:58.050 --> 00:42:10.650

Aklant Bhowmick: And we prob different separation scales, which are meant to be proxies for different stages of galaxy. The Halo mergers. So here we considered separation scales of one mega 6.1 mega parsecs and point 01 mega parsecs.

308

00:42:10.890 --> 00:42:15.180

Aklant Bhowmick: So, for example, one mega plastic separation scales would correspond to typical distances.

309

00:42:15.600 --> 00:42:29.520

Aklant Bhowmick: Between black holes living in two different healers that have just merged or are close to merging. So this represents a very, very early stages of galaxy mergers, where the galaxies are still the wholesalers are merged, but they're still too far apart to be considered as interacting

310

00:42:30.600 --> 00:42:37.590

Aklant Bhowmick: And here's an example of such a system in the same age over here where the background panel shows a gas entity field were going from

311

00:42:37.950 --> 00:42:54.600

Aklant Bhowmick: Right, right, dark red too bright yellow implies increasing gas sensitivity and these green circles correspond to the positions of Black Codes which here we see it forms a trickle system

within one mega pack scales, but the host galaxies are still to fall apart to be interacting

312

00:42:55.950 --> 00:43:05.550

Aklant Bhowmick: And then we also consider scales of point one mega politics which corresponds to typical distances between black holes hosted by interacting galaxies. So here's another example.

313

00:43:05.910 --> 00:43:19.260

Aklant Bhowmick: Of a triple system where one of the black holes is hosted by a very massive central galaxy and the other two are hosted by satellite galaxies, and you can see that the central galaxies kind of tightly stripping the to satellite galaxies.

314

00:43:20.190 --> 00:43:31.620

Aklant Bhowmick: And lastly, we also consider separation. So point 01 mega politics which corresponds to typical distances between black holes hosted by merging galaxies. So galaxies have already emerged, but the black holes are in the process.

315

00:43:31.950 --> 00:43:36.180

Aklant Bhowmick: Of merging. So, but with the separation scale, I have to mention the caveat to that.

316

00:43:36.480 --> 00:43:42.450

Aklant Bhowmick: The sample is highly incomplete because a lot of vast majority of these systems because of the financial solution of the simulation.

317

00:43:42.750 --> 00:43:53.400

Aklant Bhowmick: And the black hole repositioning scheme applied within the simulation, they would promptly merge. So it's a very incomplete sample, but nevertheless it is worthwhile to look at the agent activity of these systems as well.

318

00:43:54.360 --> 00:44:01.590

Aklant Bhowmick: And the other thing I want to note is the separation scales are much, much larger than what would be associated with gravitationally bound

319

00:44:02.100 --> 00:44:09.900

Aklant Bhowmick: Blackwood binary. So again, due to the resolution limits of the simulation, we are unable to prove scales, much smaller than this directly from the simulation snapshots.

320

00:44:10.170 --> 00:44:25.110

Aklant Bhowmick: But on that note, I must also mentioned that there are various members of the group who work on pro specific models which trace take the systems and trace the late time evolution of the systems using these some analytic post processing models, all the way down to scale subpar sex.

321

00:44:27.090 --> 00:44:32.520

Aklant Bhowmick: OK, so now let's look at the overall statistics of these black hole systems and the D amp D 100 bucks.

322

00:44:32.790 --> 00:44:39.960

Aklant Bhowmick: So here we are essentially showing what we call the black hole multiplicity functions. So in the y axis we have the number of black hole systems.

323

00:44:40.320 --> 00:44:49.680

Aklant Bhowmick: Part of it as a function of the so called black hole multiplicity, where the multiplicity of one represents a black hole single to is a pair three is a by on to the triple and so on and so forth.

324

00:44:50.640 --> 00:44:59.520

Aklant Bhowmick: When we go from left to right panels. It represents decreasing separation scales from one mega parsecs 2.01 mega six and as you can see that

325

00:44:59.910 --> 00:45:12.870

Aklant Bhowmick: As we decrease the separation scale, the total abundance of these rich black hole systems of pairs triples and quadruples the decrease as what you would expect. And this leads to an overall steepening of these multiplicity functioning profiles, but

326

00:45:14.130 --> 00:45:22.080

Aklant Bhowmick: Decreased separation scales. Now if we did have the numbers at for example redshift zero shown by this blue curve over here this

327

00:45:22.740 --> 00:45:29.340

Aklant Bhowmick: At one mega plastic separations. You have about 3000 pairs 700 troubles and hundred quadruples

328

00:45:29.880 --> 00:45:39.870

Aklant Bhowmick: At point one mega pack six operations we have 600 pairs hundred triples and 20 quadruples and at point to one megapixel separation scales we have 60 pairs. But again, remember that these

329

00:45:40.500 --> 00:45:46.740

Aklant Bhowmick: The sample is highly incomplete because of the prompt mergers happening YouTube equity positioning and financial solution.

330

00:45:48.270 --> 00:45:57.780

Aklant Bhowmick: Okay, so now let's look at the agent activity of these black hole systems. Here we quantify the agent activity in various ways. So first we look at the so called Ag and fractions.

331

00:45:58.020 --> 00:46:05.580

Aklant Bhowmick: Which is the fraction of black hole systems at various multiple cities which have at least one activity and above a certain

332

00:46:05.940 --> 00:46:13.830

Aklant Bhowmick: Critical Eddington ratio. So here I'm presenting to you Eddington ratio is greater than point seven. And again, Potter is a functional multiplicity.

333

00:46:14.400 --> 00:46:17.400

Aklant Bhowmick: So if you look at the dashed lines and accompanied by the

334

00:46:18.030 --> 00:46:23.670

Aklant Bhowmick: green dots. These essentially represent the ag and fractions of randomly selected sets of black holes.

335

00:46:23.940 --> 00:46:31.410

Aklant Bhowmick: And that fact that that increases with the call multiplicity is a simple reflection of cognitively experiencing that if we select larger and larger.

336

00:46:31.650 --> 00:46:40.560

Aklant Bhowmick: Subsets of black holes than the probability of at least one black hole, even if you randomly selected at least one black hole will have a higher will be in it will increase.

337

00:46:41.730 --> 00:46:47.100

Aklant Bhowmick: It has little have increased probability to have at least one number at integration is greater than point seven

338

00:46:47.970 --> 00:47:02.130

Aklant Bhowmick: However, if you compare these rather trivial increase to that of the solid lines which corresponds to agent fractions of true true

Blackwood systems, we see that, particularly at scale. So point one and point 01 mega parsecs which

339

00:47:02.610 --> 00:47:16.290

Aklant Bhowmick: If you look at the ratio plots, which shows the agent fractions of to backup systems divided by that of the randomly selected sets. There's enhanced Adrian fractions for these local systems by up to factors of two to six at these separation scales.

340

00:47:17.340 --> 00:47:23.820

Aklant Bhowmick: However, if we look at the same effect at one mega six operations, we do not see any enhancements.

341

00:47:25.410 --> 00:47:34.980

Aklant Bhowmick: Conversely, we can also start with a sample of agents that high enough Eddington ratios and ask the question of what fraction of this sample of agents has

342

00:47:36.090 --> 00:47:44.730

Aklant Bhowmick: Are associated with companions within media separation scales. So here we plot this quantity in the y axis, which shows the fractional regions with at least one companion.

343

00:47:45.510 --> 00:47:48.150

Aklant Bhowmick: Product as a function of the Eddington ratio threshold.

344

00:47:48.480 --> 00:47:57.420

Aklant Bhowmick: And again, we are comparing the solid and the dashed lines where the solid lines are true Blackwood systems and the dashed lines are randomly selected sets of black holes in the ratio plot over here.

345

00:47:57.810 --> 00:48:15.720

Aklant Bhowmick: And again, we see that at scale of point 1.01 mega parsecs exclusively. If we look at the highest Eddington ratios, these, these agents have enhanced likelihood of being associated with companions by two factors or four compared to what you would expect from a simple random selection.

346

00:48:16.950 --> 00:48:23.100

Aklant Bhowmick: However, if, if we look at the overall fractions we we must also note that while there is enhancement, but

347

00:48:23.430 --> 00:48:35.520

Aklant Bhowmick: The overall fraction of agents that have companions that point one megapixel still 40% which means about 60% of them are still not associated with companion. So they are aging and activities likely driven by secular processes.

348

00:48:37.350 --> 00:48:45.750

Aklant Bhowmick: The same enhancements. If you try to look for them at one mega six operations, we do not see any enhancements that you can see here, similar to what we found for the Asian fractions.

349

00:48:47.700 --> 00:48:58.320

Aklant Bhowmick: From an observational perspective, it is also interesting to infer as to what fraction of what fraction of observable agents have companions. So these are so I'll start with

350

00:48:58.770 --> 00:49:02.790

Aklant Bhowmick: Showing some results at for local agents. So these are results at redshift zero

351

00:49:03.270 --> 00:49:18.330

Aklant Bhowmick: Snapshot. So here we are essentially plotting the fraction of agents with at least one companion. Again, but as a function of the flux threshold of the in the 14 to 195 kilo electron volts band. So essentially the Ultra hard x ray G and band.

352

00:49:19.470 --> 00:49:28.500

Aklant Bhowmick: And the blue and the orange lines correspond to the point one mega plastic and point 01 mega six operations, respectively, where we did see eg and enhancements.

353

00:49:29.040 --> 00:49:43.890

Aklant Bhowmick: And if we infer the results at the swift be at flux limit we find that about 20% of 50 agents have companions within point one mega politics and about 2% of this 50 agents of components within point 01 mega parsecs.

354

00:49:45.150 --> 00:49:48.060

Aklant Bhowmick: And if we move to higher chips or there's the

355

00:49:49.260 --> 00:50:03.780

Aklant Bhowmick: And so the agents that to faint to be detected within the survey at survey, but in the heart x ray ban are some surveys, where which can potentially detect AG. And so here, our results are the same quantity but plot of now as a function of the flux threshold of

356

00:50:06.120 --> 00:50:07.860

Aklant Bhowmick: Which is for the heart x ray band.

357

00:50:08.190 --> 00:50:14.760

Aklant Bhowmick: And these vertical lines show the various flux limits of the various surveys and the heart X Ray Bands so the extent that Chandra X.

358

00:50:14.820 --> 00:50:24.780

Aklant Bhowmick: Deep Field, the channel, the feel and the stripe ready to sex and if we infer the results numbers for the stripe stripe. We did. We sex survey which is the widest of all the surveys

359

00:50:25.620 --> 00:50:35.820

Aklant Bhowmick: We have about 30% of the stripy to agents that have companions than point one mega prospects and less than 1% of these agents have companions within point 016

360

00:50:37.980 --> 00:50:47.670

Aklant Bhowmick: Okay. So, lastly, I would also like to quantify the impact of these merger driven enhancements on the overall Eddington ratios. So here are we showing the

361

00:50:48.180 --> 00:50:59.850

Aklant Bhowmick: Median Eddington ratios as a function of the redshift were for black hole singles shown by the black lines black hole binary shown by the moon lines and the black hole triples shown by the

362

00:51:00.450 --> 00:51:09.780

Aklant Bhowmick: Purple lines. And again, if we focus on the comparison in the solid and dashed lines, which is to Blackboard systems and and and sets of black holes, respectively.

363

00:51:10.440 --> 00:51:23.640

Aklant Bhowmick: We see that the median adding to ratios for the true black hole systems are enhanced by two factors of two to three at scale. So point one and point. You don't want to make our sex, but there's no such enhancements at scale. So one mega sex.

364

00:51:25.020 --> 00:51:37.440

Aklant Bhowmick: And then additionally, we also find that these enhancements are more prevalent at higher redshift compared to lower it shifts and this is naturally occurring because there's higher availability of gas to fuel. These mergers that higher ed shifts

365

00:51:39.270 --> 00:51:51.570

Aklant Bhowmick: So overall, to summarize my talk, we investigated the agent activity or Blackboard systems and 2100 and we found enhanced Adrian activity which manifests in the form of

366

00:51:52.260 --> 00:52:00.840

Aklant Bhowmick: Enhanced Adrian fractions by a factor of three to six for these very high Arrington ratio agents at scales of point one and point 01 mega parsecs.

367

00:52:01.200 --> 00:52:05.670

Aklant Bhowmick: Conversely, if we also start with a sample of agents and look at what fraction of them have

368

00:52:06.240 --> 00:52:18.480

Aklant Bhowmick: Companions within point one and point 01 mega 650 enhancements in that likelihood by a factor of four. And then, given the fact that no such enhancements are found at scale soft one mega per sec

369

00:52:19.170 --> 00:52:32.280

Aklant Bhowmick: This tense that these enhancements unlikely driven by mergers galaxy mergers or interactions. However, we also find that despite these enhancements only about 40% of agents actually have companions had point one.

370

00:52:33.360 --> 00:52:39.870

Aklant Bhowmick: Make a pass sex and even less so 4.1 mega parsecs. And this tells us that the vast majority of these agents are still

371

00:52:40.470 --> 00:52:47.520

Aklant Bhowmick: Driven by like the they don't have companions, and they are likely driven by a secular processes. And then we also find that these overall

372

00:52:48.240 --> 00:53:01.380

Aklant Bhowmick: Enhancements and Eddington ratios in these local systems are at best of two factors of two to three and this finally tells us that while merger region connection definitely exists in the system. Angie simulations.

373

00:53:02.190 --> 00:53:12.240

Aklant Bhowmick: The player relatively minor role in driving overall Ag and activity and Blackwell growth as compared to secular processes. And with that, I conclude my talk. Thank you again for the invitation.

374

00:53:12.840 --> 00:53:16.860

Aklant Bhowmick: In addition to these works. I also work on. I'm currently working on black hole seeds.

375

00:53:17.760 --> 00:53:29.010

Aklant Bhowmick: I implementing Blackwood seed models realistic luck. We'll see models in cosmological hydrodynamic simulations on currently running simulations to investigate that. So if anyone is interested and would like to discuss more on that topic. In addition to

376

00:53:29.670 --> 00:53:33.930

Aklant Bhowmick: This particular one, feel free to ask any questions. Thank you so much.

377

00:53:45.960 --> 00:54:02.850

Morgan Elowe MacLeod: Thank you for really wonderful talk. So I want to open with two questions from Martin Elvis, who asks, have you considered or tried the new star 8024 k, the band because there would be less obscuration there.

378

00:54:03.480 --> 00:54:07.020

Aklant Bhowmick: Okay, so I haven't tried that. But one reason why I

379

00:54:07.020 --> 00:54:09.000

Morgan Elowe MacLeod: Thought to 24 sorry

380

00:54:10.410 --> 00:54:17.820

Aklant Bhowmick: Eight to 24 no idea, particularly, look at that. Yet I wasn't aware of that as well. But one reason why we one

381

00:54:18.630 --> 00:54:25.560

Aklant Bhowmick: Reason why we looked at the ultra high extra bandwidth that was least protocol obscuration and that's also where we looked at

382

00:54:25.950 --> 00:54:32.430

Aklant Bhowmick: compared our results with observations from Costa del 2012 and they were numbers were consistent

383

00:54:32.880 --> 00:54:42.960

Aklant Bhowmick: But of course for the hard x ray band the significant leave much more prone to obscuration so we could not but yeah that's a very interesting point, we should look at that the new band that you consider interesting

384

00:54:43.440 --> 00:54:45.300
Morgan Elowe MacLeod: And second, in

385
00:54:46.800 --> 00:55:04.170
Morgan Elowe MacLeod: Number in number medalists at like in that relationship there seemed to be no evolution for redshift less than 1.5 and this seem surprising as there are a lot of age, and there's a lot of Ag and evolution from redshift zero to

386
00:55:04.170 --> 00:55:08.730
Morgan Elowe MacLeod: 1.50 and maybe mergers aren't responsible

387
00:55:11.220 --> 00:55:12.120
Aklant Bhowmick: So,

388
00:55:13.380 --> 00:55:16.200
Aklant Bhowmick: So you mean the overall abundance of black hole systems here.

389
00:55:17.820 --> 00:55:20.070
Morgan Elowe MacLeod: And Martin. If you'd like to unmute yourself and

390
00:55:20.070 --> 00:55:21.120
Morgan Elowe MacLeod: chime in. Yes.

391
00:55:22.080 --> 00:55:23.640
Aklant Bhowmick: Okay, awesome. So

392
00:55:23.850 --> 00:55:28.830
Martin Elvis: The color red, blue, red and green are all on top of each other. So there's no change.

393
00:55:29.790 --> 00:55:38.820
Aklant Bhowmick: Yes. I mean, they decrease, but not to such an extent between redshift zero to 1.5 but if you look at the overall Ag and activity.

394
00:55:39.600 --> 00:55:50.940
Aklant Bhowmick: They are so if you were to make the same plot for the abundance of agents, then at various flux limits, then we would see an evolution. So the agent activated significantly decreases between

395
00:55:51.210 --> 00:55:56.550

Martin Elvis: The left suggests that the connection with the multiplicity is not that strong right

396

00:55:57.690 --> 00:56:11.100

Aklant Bhowmick: A that simply is a consequence of the overall decrease in the gene activity but but it merges can still be triggered, but because of the ability to have less gas. What will left an extent compared to

397

00:56:12.210 --> 00:56:15.570

Aklant Bhowmick: Redshift higher chips, where you have more. Yes.

398

00:56:18.690 --> 00:56:35.490

Morgan Elowe MacLeod: Interesting from below. What is the fraction of pairs that produce a gravitational waves signal that's detectable by Lisa which show AGM activity in tertiary or higher order multiple companion so

399

00:56:36.510 --> 00:56:41.100

Morgan Elowe MacLeod: Pairs that producer gravitational wave signal which has a GN activity in a third

400

00:56:41.700 --> 00:56:51.780

Aklant Bhowmick: Yeah. That is an interesting question. I haven't looked at that yet. So they are post processing models that student of Laura blacker Muhammad's is working on which does predict

401

00:56:53.520 --> 00:57:01.200

Aklant Bhowmick: make predictions for gravitation to make detections for these kinds of sources. And yeah, I mean, I think that's really interesting Avenue, where we can actually collaborate

402

00:57:01.350 --> 00:57:01.920

Morgan Elowe MacLeod: Together and

403

00:57:02.220 --> 00:57:04.950

Aklant Bhowmick: Figured out. I can look at the agent activity and

404

00:57:05.040 --> 00:57:06.510

Aklant Bhowmick: You can look at the gravitational wave but

405

00:57:06.930 --> 00:57:13.560

Aklant Bhowmick: At this point, I haven't looked at that, because we have to look at. We have to apply these models to smaller scale and prefer evolution to smaller scales.

406

00:57:16.290 --> 00:57:18.930

Morgan Elowe MacLeod: So I was wondering along those lines.

407

00:57:19.950 --> 00:57:21.900

Morgan Elowe MacLeod: Obviously there is

408

00:57:23.310 --> 00:57:28.470

Morgan Elowe MacLeod: A resolution limit to any simulation, but can we say something about

409

00:57:30.120 --> 00:57:47.040

Morgan Elowe MacLeod: Could one apply essentially a sub grid model of the in spiral and perhaps merger by basically asking if there is a single black hole, which was multiple but merged at time X in the past in the simulation.

410

00:57:47.340 --> 00:57:58.290

Morgan Elowe MacLeod: So in a sense, like, can we get some information about what may be a sub grid pair by asking about singles that are previous mergers.

411

00:57:59.250 --> 00:58:05.700

Aklant Bhowmick: So you mean that we start with a sample of in what in the simulation because of outmoded repeating a single, single black holes but

412

00:58:05.910 --> 00:58:15.300

Aklant Bhowmick: Yes, but actually the actually they are like if you were to have an infinite presentation simulation, for instance, they would be merging lot and

413

00:58:15.780 --> 00:58:16.140

Aklant Bhowmick: I mean,

414

00:58:16.170 --> 00:58:24.030

Aklant Bhowmick: It's somewhere along those lines that these pros processing model that's I eat at 2020 years trying to apply. So they're basically taking

415

00:58:24.030 --> 00:58:24.750

Morgan Elowe MacLeod: These accolades.

416

00:58:25.410 --> 00:58:37.860

Aklant Bhowmick: And trying to trace. So one, one thing that they do is incorporate the LA Times in the modules. So one one aspect of the model. And yeah, it's definitely possible to do that and and yeah I

417

00:58:37.890 --> 00:58:43.020

Aklant Bhowmick: Mean we can move forward that discussion would say even look at that avenue for sure.

418

00:58:44.610 --> 00:58:49.230

Morgan Elowe MacLeod: Great. And we have time for one last question here in China asks

419

00:58:50.250 --> 00:58:51.450

Morgan Elowe MacLeod: What kind of

420

00:58:52.950 --> 00:59:00.000

Morgan Elowe MacLeod: Black Hole feeding model, are you considering to implement in the cosmological simulation and then

421

00:59:00.300 --> 00:59:01.470

Morgan Elowe MacLeod: Assuming a rapper.

422

00:59:01.830 --> 00:59:02.610

Morgan Elowe MacLeod: Will you

423

00:59:02.670 --> 00:59:05.160

Morgan Elowe MacLeod: Will be abandoning the Bondi Hoyle model.

424

00:59:05.250 --> 00:59:06.480

Morgan Elowe MacLeod: Or what's your plan.

425

00:59:06.660 --> 00:59:17.010

Aklant Bhowmick: So currently we are running a whole bunch of tests just to determine that. So we initially started off with a very simple model where we replace the FBS seating where you just see

426

00:59:18.240 --> 00:59:19.980

Aklant Bhowmick: In fo groups at

427

00:59:21.150 --> 00:59:24.390

Aklant Bhowmick: above a certain mass we have replaced that by

428

00:59:25.410 --> 00:59:40.620

Aklant Bhowmick: Sitting at FOS where the densest gas sell his house just becomes our farming, but the multiplicity of that specific style is less than a specific value. So we have considered various central PA negative four times negative five and you run some initial tests and

429

00:59:41.670 --> 00:59:55.320

Aklant Bhowmick: And then we're also considering various other models, for example, like the star forming gas mass of that specific Halo is greater than a certain factor times the seed mass, for instance, or the multiplicity of that stuff. Having the access

430

00:59:56.010 --> 01:00:10.860

Aklant Bhowmick: Less than a certain value. So we are trying to do various possible tests to investigate that and see whether the key problem here is that different. We have we achieved in some sense of resolution convergence, because what we are really trying to do is

431

01:00:12.270 --> 01:00:18.600

Aklant Bhowmick: Try to because we cannot resolve the seats at these limited visitation simulations we have trying to

432

01:00:19.770 --> 01:00:20.460

Aklant Bhowmick: Infer

433

01:00:21.990 --> 01:00:27.510

Aklant Bhowmick: We're trying to seed these simulations, with the resolution of the simulation, which is limited.

434

01:00:27.810 --> 01:00:38.160

Aklant Bhowmick: And we are trying to infer the evolution from by running higher resolution zoom simulations as to what would happen if the seed, which would have otherwise been seeded lot earlier. Try to involve

435

01:00:38.520 --> 01:00:54.330

Aklant Bhowmick: Would have evolved to a much larger mass. And we would have me then, we can see that larger black hole Muslim to a lower resolution simulation. And that's a very tricky problem because there's so many different environmental factors that affect these and evolution of the seeds like

436

01:00:55.410 --> 01:01:06.780

Aklant Bhowmick: Hey, Tomas metal cities that affirmation is reason all of that. So you die like no be undergoing a lot of tests and we have

absolutely narrowed down on which industrial model, we want to use, but we are doing that.

437

01:01:09.000 --> 01:01:10.080

It's really interesting.

438

01:01:11.130 --> 01:01:25.080

Morgan Elowe MacLeod: Um, well, I think we are at our time. So let me thank both of you. Excellent. And, and, and for really wonderful talks today and we all want to express our gratitude for your joining us

439

01:01:26.100 --> 01:01:26.850

Morgan Elowe MacLeod: And

440

01:01:28.140 --> 01:01:31.560

Morgan Elowe MacLeod: And and convening in this virtual space. So thank you all.

441

01:01:33.270 --> 01:01:35.280

Ana Bonaca: And thank you for tuning in.

442

01:01:37.110 --> 01:01:43.650

Ana Bonaca: It's really nice to actually see the the handles are more than 60 people from from the CFA and IDC

443

01:01:43.950 --> 01:01:44.670

Exactly.

444

01:01:46.290 --> 01:01:48.870

Morgan Elowe MacLeod: Wonderful. Well, we'll see you all soon. Bye bye.

445

01:01:49.020 --> 01:01:49.320

Aklant Bhowmick: Thank you.

446

01:01:49.410 --> 01:01:50.910

Ana Bonaca: Thanks doing fine.